

SUPERIOR COURT OF THE STATE OF CALIFORNIA

COUNTY OF SANTA CLARA

**CERTIFIED  
TRANSCRIPT**

SZ HUA HUANG, Individually and as  
successor in interest to WEI LUN  
HUANG, deceased; TRINITY HUANG, a  
minor; TRISTAN HUANG, a minor; HSI  
KENG HUANG; and CHING FEN HUANG,

CASE NO. 19CV346663

Plaintiffs,

-vs-

TESLA INC. dba TESLA MOTORS, INC.,  
THE STATE OF CALIFORNIA, and DOES  
1 through 100,

Defendants.

\_\_\_\_\_ /

VIDEOTAPED

DEPOSITION OF DHAVAL SHROFF

Taken before KAREN A. CRANGLE  
Certified Shorthand Reporter  
State of California  
C.S.R. License No. 3816

July 22, 2021

1 VIDEOTAPED DEPOSITION OF DHAVAL SHROFF

2

3 Pursuant to Notice of Taking Deposition, and on  
4 Thursday, July 22, 2021, at the hour of 10:00 a.m., at  
5 BOWMAN AND BROOKE, LLP, 1741 Technology Drive, Suite 200,  
6 San Jose, California, before me, KAREN A. CRANGLE,  
7 Certified Shorthand Reporter, personally appeared DHAVAL  
8 SHROFF, produced as a witness in the above-entitled  
9 action, who, having been first duly sworn, was thereupon  
10 examined as a witness to said action.

11

12

13

14 APPEARANCES

15

16 Andrew P. McDevitt, Attorney at Law, WALKUP,  
17 MELODIA, KELLY & SCHOENBERGER, 650 California Street, 26th  
18 Floor, San Francisco, California, 94108, was present on  
19 behalf of the plaintiffs.

20

21 Seema Bhatt, Attorney at Law, MINAMI TAMAKI LLP,  
22 360 Post Street, 8th Floor, San Francisco, California,  
23 94108, was present remotely on behalf of the plaintiffs.

24

25

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

Vincent Galvin, Attorney at Law, and Lauren O. Miller, Attorney at Law, BOWMAN AND BROOKE LLP, 1741 Technology Drive, Suite 200, San Jose, California, 95110, were present on behalf of the defendant Tesla, Inc.

Rosemary Love, Deputy Attorney, State of California, Caltrans Legal Division, 111 Grand Avenue, Suite 11-100, Oakland, California, 94612, was present remotely on behalf of the defendant California Department of Transportation.

And there also being present Tesla inhouse counsel Jack Galvin, Brittany Wilson, Lindsey Adams-Hess.

And there also being present Cutler Andrus, videographer, Eureka Street Legal Video.

---oOo---

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13

I N D E X

Deposition of DHAVAL SHROFF

Page

Examination by:

MR. MCDEVITT

7

Plaintiff's Exhibits

16  
17

Exhibit 72

Four color Google Earth aerial  
photographs

76

1

[REDACTED]

22

23

---oOo---

24

25

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

PROCEEDINGS

THE VIDEOGRAPHER: This begins video number one in the deposition of Dhaval Shroff in the matter of Huang versus Tesla, Inc., et al. venued in the Superior Court of the State of California for the County of Santa Clara. The case number is 19CV346663.

Today's date is July 22nd, 2021, and the time on the video monitor is 10:07 a.m.

The video operator today is Cutler Andrus representing Eureka Street Legal Video, (415) 215-2041.

We are located at 1741 Technology Drive in San Jose, California, and the deposition was noticed by the plaintiff.

Will counsel please voice identify yourselves and state whom you represent.

MR. MCDEVITT: This is Andrew McDevitt from Walkup Melodia for plaintiffs.

MR. GALVIN: Vincent Galvin and Lauren Miller are here for Tesla.

MS. LOVE: This is Rosemary Love for the State of California, Department of Transportation.

THE VIDEOGRAPHER: Anybody else on the phone for appearances?

MS. BHAT: Seema Bhatt for plaintiff.

THE VIDEOGRAPHER: The court reporter today is

1 Karen Crangle representing Crangle Reporting Services.

2 Will the court reporter please swear in the  
3 witness.

4 DHAVAL SHROFF,  
5 sworn as a witness by the Court Reporter,  
6 testified as follows:

7 EXAMINATION BY MR. MCDEVITT

8 MR. MCDEVITT: Q. Good morning. Could you please  
9 state your full name for the record.

10 A. My name is Dhaval Shroff.

11 Q. And what is your date of birth?

12 A. February 1st, 1992.

13 Q. All right. So Mr. Shroff, we have a court  
14 reporter in the room today who is transcribing everything  
15 that's spoken in the room. I'm going to give you a heads  
16 up that you're probably going to need to slow down because  
17 I can tell you might speak a little quickly for the court  
18 reporter. Okay?

19 A. Um-hum.

20 Q. And she can only take down one person speaking  
21 at a time so do your best to let me finish a question  
22 before you start your response; I will do my best to let  
23 you finish your response before I start my next question.

24 If I find that you're talking over me or I talk  
25 over you, I will try to catch that; I'm sure Vince will

1 catch it, too.

2 But just try to be patient; pretend like there's

3 only one microphone. Okay?

4 A. Sounds good.

5 Q. All right. Fast learner.

6 I don't want you to guess; I don't want you to

7 speculate; I don't want you to make things up. But I am

8 entitled to your best recollection, your best estimate.

9 I get that some of the things I'm going to be

10 asking you about likely occurred a number of years ago.

11 There may be things that you do remember in detail; there

12 may be some things that you don't remember in tremendous

13 detail.

14 I don't know what you remember and what you don't,

15 so I may probe your memory through some questions, but I'm

16 going to rely on you to tell me when you don't remember

17 something versus when you do. Okay?

18 A. Sounds good.

19 Q. I don't want you to answer one of my questions

20 if you're confused by it. I'm sure I will get some of the

21 terminology wrong, I can guarantee that, so if I ask a

22 question, and the way I asked it because a term I used is

23 inappropriate, or I used the wrong term or something, just

24 let me know if you're confused. All right?

25 A. (Nods head.)



1 Q. That last response there was not quite  
2 verbal --

3 A. Yes.

4 Q. That's for the purposes of the court reporter.  
5 Even though we're videotaping today, the court reporter  
6 needs to keep the official transcript. Okay?

7 A. Sounds good.

8 Q. If you respond to my questions today, I'm going  
9 to believe you heard the question, you understood it, and  
10 you're providing a truthful response. Fair enough?

11 A. Yes.

12 Q. You understand you're under oath today?

13 A. Yes.

14 Q. And even though we're in a conference room, the  
15 oath you took today would be the same oath if you were in  
16 Court and there was a judge and jury present.

17 Do you appreciate that?

18 A. Yes.

19 Q. You can see the camera in front of you. Just  
20 so that you're spared notice, there's at least a potential  
21 that videotape of your testimony today or portions of it  
22 can be shown to a jury and to a judge if this case  
23 proceeds to trial. Okay?

24 A. Sounds good.

25 Q. What is your current job title?

1 A. Autopilot engineer at Tesla.

2 Q. How long have you been an Autopilot engineer at  
3 Tesla?

4 A. From 2015 February.

5 Q. And am I correct you started, initially started  
6 working for Tesla, when you were in college?

7 A. That is correct.

8 Q. And what year was that?

9 A. June 2014.

10 Q. Where did you go to college?

11 A. Carnegie Mellon University, Pittsburgh.

12 Q. What was your degree there?

13 A. Master's of Science in Robotic Systems  
14 Development.

15 Q. Where did you complete your undergraduate  
16 studies?

17 A. Mumbai University.

18 Q. And did you have a focus there?

19 A. Electronics and Telecommunication.

20 Q. I didn't ask you this. Did you look at any  
21 documents or materials as part of your effort to prepare  
22 for the deposition today?

23 A. No.

24 Q. And just so that I'm clear, when I say  
25 documents or materials, just so we're on the same page,

1 I'm including things that would be on the computer, so  
2 videos or PDFs or anything that -- any sort of media.

3 A. No.

4 Q. Okay. Did you speak with anybody in  
5 anticipation of your deposition today?

6 A. My lawyers.

7 Q. Okay. And how many times did you meet with  
8 attorneys prior to your deposition?

9 A. Twice.

10 Q. And when were those two occasions?

11 A. Tuesday and Wednesday of this week.

12 Q. And what was the duration of time that you  
13 spent meeting with attorneys?

14 A. About six hours on Tuesday and about four hours  
15 on Wednesday.

16 Q. So roughly ten hours total?

17 A. Yeah.

18 Q. Okay. And am I correct that in the meetings  
19 with the attorneys you didn't review any documents or  
20 videos or files. Is that true?

21 A. That is correct.

22 Q. You indicated that you started as an Autopilot  
23 engineer in February of 2015. Is that true?

24 A. Yes.

25 Q. Has your title been the same since you started

1 in 2015?

2           A. Autopilot engineer is the external title that  
3 we have; we have internal titles as well.

4 Q. Okay. So when you started with Tesla in  
5 February of 2015, were you with a sub group that worked on  
6 Autopilot?

7 A. Yes.

[illegible]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

7 Q. Okay. Now let's focus on that. It's up to the  
8 user to determine what lane they want the vehicle in.

9 Right? That's what you said?

10 A. Yes.

11 Q. Okay. And the user does that by engaging  
12 Autopilot Autosteer when they're within the bounds of a  
13 lane. True?

14 A. The user first goes to the UI where they enable  
15 Autopilot or Autosteer feature that they want.

16 Then they put their eyes on the road. Then they  
17 have a stalk next to them which they double press, giving  
18 confirmation that, like, this is the lane I want to drive  
19 in, and then they keep their eyes on the road and they  
20 continue monitoring the lane that the car is driving in.  
21 Is this the lane I want to drive in? If so, let the car  
22 continue driving in it.

23 If at any moment when they're sitting in the car  
24 and this feature is enabled, if they see the car is not  
25 driving in a lane that they want it to drive in, then it's

1 completely up to their discretion to drive in whichever  
2 lane they want to drive in; the car doesn't decide what  
3 lane to drive in.

4 Q. Okay. I'm focused just on there's, with  
5 respect to activating Autosteer, there's a moment in time  
6 when the driver does that. Right?

7 A. Just activating Autosteer?

8 Q. Correct.

9 A. Yes. The driver can activate Autosteer  
10 whenever the icon for activating Autosteer is available,  
11 and at that particular moment, the car will keep itself  
12 inside the lane that it's immediately in, but that is not  
13 true for two seconds after it has been activated, for  
14 instance.

15 Q. So two seconds after a driver enables  
16 Autosteer, the vehicle may go into a different lane that  
17 it was at the moment it was enabled?

18 A. Depends on where the driver wants that lane to  
19 be. If the lane is the same as what the driver wants it  
20 to be, sure.

21 If the lane is different, than what the driver  
22 wants it to be, then the driver needs to put it back in  
23 the lane where the driver wants it to be.

24 The lane is a concept that the driver is --  
25 reminding that this is the lane I want to drive in.

1                   Q. Okay. So let's just break it down again.  
2                   So say a person is driving on a freeway, let's say  
3 101 south. And there may be a number of different lanes  
4 in that direction. Do you agree?  
5                   A. Sure.  
6                   Q. Okay. And if the driver is considering  
7 enabling Autosteer, one thing they need to do is to look  
8 at the user interface on the vehicle, and there's either  
9 going to be a steering wheel that's grayed out or is blue.  
10 True?  
11                  A. Correct.  
12                  Q. And if the steering wheel is blue, that is  
13 communicating to the driver you are in a location where  
14 you can enable Autosteer at this moment. Right?  
15                  A. No. If it is gray in color and the steering  
16 wheel shows up, that means this is in a location where you  
17 can start Autosteer.  
18                  Q. No, no. I'm talking about blue. Blues  
19 means --  
20                  Okay. If you're driving, and you look on your  
21 screen on the Tesla, and the steering wheel is colored in  
22 in blue, that's telling you, right now driver, if you want  
23 to, you can double push the stalk and Autosteer will  
24 engage. Right?  
25                  A. No.

1 Q. No?

2 A. No. When the icon gray-colored steering wheel  
3 is available, that is when if the driver wants they can  
4 engage Autosteer.

5 When it's blue, the driver has already confirmed  
6 and acknowledged that they want to activate Autosteer.

7 Q. Okay. So the gray steering wheel is present on  
8 the interface when the driver has the option in the moment  
9 to enable Autosteer. Right?

10 A. Yes.

11 Q. If the steering wheel is not there, that means  
12 the driver double pushing the stalk will not engage  
13 Autosteer. Correct?

14 A. Correct. It will give you a warning.

15 Q. It will make a weird noise --

16 A. Yeah.

17 Q. -- ehh, ehh, something like that?

18 A. Yeah, along those lines.

19 Q. So if the driver is going on -- they're in a  
20 lane on 101 south, and a gray steering wheel is present on  
21 their user interface, that signifies to the driver, if you  
22 double push the stalk, Autosteer will engage. Correct?

23 A. Yes.

24 Q. And Autosteer will, at that moment, whatever  
25 lane the person is in, that's the lane that Autosteer is



1 supposed to continue in. Correct?

2 A. Depends for how long.

3 Q. Okay. But in terms of the Autosteer, we're

4 setting aside the Navigate on Autopilot, and actually let

5 me just distinguish.

6 So the Autosteer for the Autopilot suite that did

7 not have Navigate on Autopilot, if you activate the turn

8 stalk to the left or right when you don't have Navigate on

9 Autopilot, but you're in Autosteer, it won't do anything.

10 Right?

11 A. It will do a lane change.

12 Q. It will do an automatic lane change?

13 A. If you give the turn indicator.

14 Q. For all vehicles that have Autosteer?

15 A. Not all of them.

16 Q. Okay. So if you are a driver of a Tesla

17 vehicle that has Automatic Lane Change as part of your

18 package, you can hit the turn stalk and that will give a

19 request to the vehicle to make a change from the lane

20 you're in to the immediately adjacent lane in whatever

21 direction you hit the turn stalk. Right?

22 A. As long as it's parallel to the lane you are

23 going in.

24 Q. Okay. And that's actually -- that would be a

25 way to communicate to the vehicle that you want to move

1 from the lane that you were in at the time you hit  
2 Autosteer to a different lane. True?

3 A. Yes.

4 Q. All right. If you don't have Automatic Lane  
5 Change, then there isn't a way, with Autosteer still  
6 engaged, for the driver to communicate to the vehicle that  
7 he or she wants to change lanes. True?

8 A. No, they can disengage Autosteer and do it  
9 themselves.

10 Q. Okay. Listen carefully. I said with Autosteer  
11 engaged. Okay.

12 So if Autosteer is engaged, and you don't have  
13 Automatic Lane Change, there is no mechanism for the  
14 driver to communicate to the vehicle: I want to change  
15 out of the lane that I'm currently in.

16 A. Change to a parallel lane? Yes.

17 Q. Is there some mechanism to change it to a  
18 perpendicular lane?

19 A. I mean if the driver is putting the car in a  
20 location where they enable Autosteer, and next moment, the  
21 car is not in the lane where the driver wanted Autosteer  
22 to be enabled, that could be a different lane for them.

23 It's the driver's idea of what a lane is. It's not  
24 the car's idea of what a lane is; it's the driver's idea  
25 of what a lane is. Like what is the definition of a lane

1 is something that the driver has defined, like these two  
2 dashed lines or solid lines? Is that what the driver  
3 calls a lane? Then that is what the driver calls a lane.

4 [REDACTED]  
[REDACTED]  
[REDACTED]  
[REDACTED]  
[REDACTED]  
[REDACTED]

10 Q. Okay. But again, the only way for the driver  
11 to -- there is no way for the driver to communicate to the  
12 vehicle, with Autosteer still engaged, in a vehicle that  
13 does not have a Auto Lane Change or Navigate on Autopilot,  
14 there is no way to communicate to the vehicle that a  
15 driver wants to change a lane.

16 A. I think communication is something that we  
17 define as a user interface, and by "user interface", it  
18 means that if the driver wants the vehicle to do anything,  
19 in case of a turn indicator, you can put a turn indicator  
20 which this car doesn't have. In the other case you can  
21 press the brake, steering wheel, anything you want, and do  
22 a lane change, which is up to the driver's discretion.

23 Q. Okay. But all the things you just said, that  
24 would disengage Autosteer.

25 A. Yes.

1 Q. Okay. So my question was with Autosteer still  
2 engaged.

3 Do you agree that with Autosteer engaged, there is  
4 no way for the driver to communicate to the vehicle to  
5 move out of the lane that they were in at the time  
6 Autosteer was engaged if the vehicle doesn't have  
7 Automatic Lane Change or Navigate on Autopilot.

8 A. Autosteer by definition is expected to keep you  
9 within two lanes that the driver agreed is the lane at the  
10 immediate moment that they want to drive in.

11 Q. Okay.

12 MR. GALVIN: We've been going about an hour and a  
13 half. Are you up for a break? I figure we should stop --

14 MR. MCDEVITT: Yes, that's fine. We can take a  
15 break.

16 THE VIDEOGRAPHER: We are going off the record.  
17 The time is 11:26.

18 (Recess.)

19 THE VIDEOGRAPHER: We're back on the record. The  
20 time is 11:42.

21 [REDACTED]  
[REDACTED]  
[REDACTED]  
[REDACTED]  
[REDACTED]

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

████████████████████

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

22

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

[REDACTED]

18           He said, quote: "When there is a serious accident,  
19 it is almost always, in fact, maybe always, the case that  
20 it is an experienced user and the issue is more one of  
21 complacency."

22           Do you agree?

23           A. I may want to read that to make full sense of  
24 it. Or if you could repeat that.

25           Q. Sure. "When there is a serious accident, it is

1 almost always, in fact, maybe always, the case that it is  
2 an experienced user and the issue is more one of  
3 complacency."

4 A. I don't fully understand that statement. I am  
5 guessing it's pointing to the complacency of the driver  
6 driving the car?

7 Q. Yes.

8 A. Where the driver is not paying attention is  
9 what it seems to get to?

10 Q. That the driver is complacent with its  
11 confidence in the system, Autopilot system.

12 MR. GALVIN: Is that what he said?

13 MR. MCDEVITT: I'm just reading it, and if in  
14 fairness to you, if you don't know, you can say you don't  
15 know; if you need to know more, you can say you need to  
16 know more.

17 THE WITNESS: I don't know about it.

18 MR. MCDEVITT: Q. Do you agree with Elon's  
19 statement also from May of 2018 that: "With respect to  
20 collisions, it's not a lack of understanding of what  
21 Autopilot can do; it's drivers thinking they know more  
22 about Autopilot than they do."

23 MR. GALVIN: What's the question?

24 MR. MCDEVITT: Do you agree?

25 THE WITNESS: Those are his words. I have no

1 response to that.

2 MR. MCDEVITT: Q. Okay. Have you ever heard the  
3 term within Tesla "meat computers"?

4 A. No.

5 Q. Have you ever heard Andre Karpathy refer to  
6 humans as "meat computers"?

7 A. Not to my best recollection.

8 Q. Have you ever heard anybody in the Tesla  
9 Software Development team refer to people as "meat  
10 computers"?

11 A. Not to my best recollection.

12 Q. Have you ever heard the phrase "meat computer"?

13 A. This is the first time to my best recollection.

14 MR. MCDEVITT: Okay. I'm going to ask you, again,  
15 this is going to relate to Autosteer, so this will be the  
16 next exhibit. This is going to be 72.

17 (Plaintiff's Exhibit 72 was marked for  
18 identification.)

19 MR. MCDEVITT: Q. Okay. Do you see Exhibit 72?

20 A. Yes.

21 Q. All right. So I'm going to ask you some  
22 questions about Autosteer.

23 A. Okay.

24 Q. Do you see this Model X overhead view adjacent  
25 to the number 1?



1           A. Uh, I can see a car there.

2           Q. Well, that isn't my handiwork; that is a  
3 Model X.

4           THE WITNESS: Okay. If you say so.

5           MR. GALVIN: I'm going to remind you that he's not  
6 here as an expert, so if you're asking him a hypothetical  
7 I'm not going to let him answer. But go ahead and ask if  
8 so you've made a record.

9           MR. MCDEVITT: Q. So as to Autosteer, the intended  
10 function of Autosteer, am I correct that the intended  
11 function of Autosteer, between, if we just look at points  
12 1 and 2 on the road, is to stay within that lane that the  
13 vehicle is in at the time that Autosteer is activated. Is  
14 that true?

15          A. At point 1 it should be where it currently is.

16          And between points 1 and 2, it's up to the driver  
17 as to where it goes. And again, I point to, if you start  
18 right there, then it should be at point 2.

19          Q. So let me just break that down.

20          So between points 1 and 2, there's no predicability  
21 as to whether Autosteer will keep the vehicle in the lane  
22 that it was in at point 1?

23          A. Um, Autosteer is a system that's designed with  
24 the car's computer and driver in the loop together.

25          So if the driver in the loop activates Autosteer at

1 point 1, it depends on the driver's intention of where the  
2 car is going, versus where the driver wants it to go. And  
3 the driver may want to do something else.

4 So there is no guarantee based on what the driver's  
5 thinking is as to where the car goes after they activate  
6 at point 1.

7 Q. So let me separate the driver's intention and  
8 desire from actions by the driver.

9 So if the driver at point 1 in their head says I  
10 want to remain in this lane, and they activate Autosteer,  
11 and the entire time between point 1 and point 2 in their  
12 head they're saying I really want to stay in this lane.  
13 Are you saying then it will stay in the lane?

14 A. Yes, they can -- if the car tries to do  
15 something else, they can turn off Autosteer and stay in  
16 that lane, because the driver and the car are working  
17 together.

18 If the car does something that the driver, at any  
19 moment, every microsecond between 1 and 2, if the car does  
20 something the driver doesn't want it to do, the driver is  
21 free to take over and drive themselves to point 2.

22 Q. Okay. So I'm distinguishing here between  
23 interventions and what the car, from the design, the Tesla  
24 Autopilot software design perspective, is supposed to do  
25 by design.

1 MR. GALVIN: No, but he's answering it.

2 MR. MCDEVITT: No, he's not. This is like --

3 MR. GALVIN: The designing requires the driver's

4 attentive.

5 MR. MCDEVITT: We're in Fantasyland, though. He's

6 talking like people are like Jeddi knights that can

7 control the car with their heads.

8 THE WITNESS: That is what every non-Tesla user

9 does, control the car with their hands.

10 MR. MCDEVITT: Q. Okay. What I'm talking about is

11 there was a design objective and an intended function of

12 Autosteer. True?

13 A. It is what is provided to the -- provided in

14 the Owner's Manual.

15 Q. Okay. So am I correct that the intended

16 function of Autosteer is to keep the vehicle in the lanes

17 that it -- between the lane lines that it was in when it

18 was activated, absent other intervention.

19 A. Depends what a lane you define it as.

20 Q. Okay. So how does Tesla define a lane?

21 A. I'm not an expert matter on that subject.

22 Q. You don't know how --

23 MR. GALVIN: Don't argue. He's a fact witness.

24 You picked him.

25 MR. MCDEVITT: Okay.

1 MR. GALVIN: So you can --

2 MR. MCDEVITT: Q. Over the course of your six-plus  
3 years with Tesla, you have not come to have an  
4 understanding of how Tesla defines a lane? Is that true?

5 A. I'm not the one making the design decision for  
6 labeling of lane.

7 Q. So I'm saying you don't have an understanding.  
8 I'm not talking about what somebody else decided to  
9 define; I'm talking about you, as a person. Your  
10 understanding as a Tesla design engineer, your  
11 understanding of what a lane is.

12 Do you have an understanding?

13 A. I have my thoughts on it, but those may not be  
14 the same as what Tesla's thoughts on a lane are.

15 Q. Okay. And am I correct that as you sit here  
16 today you don't know if your understanding of a lane by  
17 definition is the same as what Tesla defines as a lane?  
18 Is that true?

19 A. There are multiple stages in this process where  
20 there is somebody who designs the document for labelers as  
21 to label lines in an image which is called a lane which is  
22 completely abstracted from me.

23 There is a part where I get measurements which,  
24 from the image, tell me this is a lane. I track them, I  
25 make some consistency checks, and I make some other

1 checks, and that is what I pass downstream of it.

2           So I take in some data as input, I do something  
3 with that data, and then I pass it downstream.

4           The part upstream of me is not a part of my  
5 expertise or decision-making; the part downstream of me is  
6 not my expertise or decision-making.

7           So I get some data, and I make some sense of that  
8 data, and then it goes downstream.

9           Q. Okay. What is a lane?

10          A. It's whatever the network gives out to me and  
11 tells me that these are all the points that are defining a  
12 lane. So my part of the code, that is what a lane is.

13          Q. You have a driver's license?

14          A. I do.

15          Q. Okay. Just in your lay understanding, what's a  
16 lane?

17          A. It's wherever I intend to go, that is a lane.  
18 There may be markings on the ground that might help me  
19 where I want to go or there may be no markings on the  
20 ground in which case I should still not do a sine wave on  
21 the road.

22          Q. Do you agree that there is no uniform  
23 definition of a lane?

24          A. There may be somebody who has defined what a  
25 uniform definition of a lane is, but to my knowledge I use

1 references around me to determine what a lane is.

2 Say there's no paint on the ground, but I see a car  
3 going in front of me, then I follow that car because I'm  
4 presuming that car is driving in its lane.

5 If there's paint on the ground then I use that as  
6 reference to determine what a lane is.

7 Q. Okay. So then how can you define what  
8 Autosteer does without an accepted definition of a lane?

9 A. Uh, Autosteer does what, when a human decides  
10 at that instant moment that if I engage, and Autopilot  
11 immediately does something that they expect it to do, and  
12 it keeps doing that every second of monitoring what the  
13 car is doing, then that is what the design is. The design  
14 keeps the human in the loop to monitor exactly what  
15 Autopilot is doing at any given point.

16 Q. Okay. So would you disagree that there are  
17 defined lanes on roads like U.S. 101 south?

18 A. Depends when you're looking at the data and  
19 what the image in front of me looks like. I never drive  
20 with that view in mind.

21 Q. So in Exhibit 72, on the side of the road that  
22 the vehicle is on that's next to the number, am I correct  
23 in your mind you do not know how many lanes are there. Is  
24 that true?

25 A. I mean while driving, I'm never looking at that

1 view in driving; I'm looking at what I can see from the  
2 windshield and drive.

3 Q. Okay, sir. You're an engineer; you've had to  
4 do planned view, projected view. You have to be able to  
5 think in 3-D. True?

6 A. Sure.

7 Q. So you're not confused by this image, are you?

8 A. This particular image? No.

9 Q. Okay. So am I correct that you cannot tell us  
10 how many lanes are displayed on this image? Is that true?

11 A. Can I walk up and point to something?

12 Q. Sure.

13 MR. GALVIN: Keep your voice up if you say  
14 something.

15 THE WITNESS: Okay.

16 So let's say if we are here, I don't know if this  
17 is an HOV lane or not an HOV lane; like ignore the rest  
18 of the image. I can tell this is one lane, this is two  
19 lane, this is three, this is four, this is five, or this  
20 is six. I don't know if this is a shoulder at this point,  
21 or is it an exit only lane.

22 Let's move on to somewhere further ahead. At this  
23 point, I still don't know if this is an HOV lane or not,  
24 or is this a shoulder.

25 This is a lane; this is a lane; this is a lane;

1 this is a lane; this is another lane. I don't know if at  
2 this point there's one lane or two lanes, or just a  
3 wide-open lane ignoring the rest of the picture.

4 Then once I go here, I know this is an HOV lane;  
5 this is an HOV lane; this is not an HVO lane any more; and  
6 then this is one lane; this is one lane; this is one lane;  
7 and then I know this is a shoulder.

8 MR. MCDEVITT: Q. Okay. When you were just doing  
9 that demonstration, you were doing it from the perspective  
10 of instantaneous, what's the information only in this  
11 slice of view.

12 A. Right.

13 Q. You weren't taking the whole context of the  
14 image.

15 A. No.

16 Q. Why not?

17 A. Because totally I was only looking at it in  
18 parts and describing.

19 Q. Okay. But looking at it as a -- you can see  
20 the entire image, right?

21 A. In this particular case? Yes.

22 Q. Okay. So in this particular image, seeing the  
23 entire thing, can you tell us how many lanes there are?

24 A. Uh, it's still ambiguous. I still don't know  
25 if this is one lane or two lanes, and if two vehicles can



1 drive by side by side, sure, in my mind those are two  
2 lanes.

3 If you are here, is this two lanes? Unclear.  
4 Because it's going from being one lane into two lanes.

5 Q. Okay. Is there anywhere else on the image  
6 where you were ambiguous as to whether a lane exists?

7 A. Um, no.

8 Q. Okay. So the vehicle next to the number 1,  
9 would you agree that vehicle is in a lane?

10 A. In this particular image context, yes.

11 Q. Okay. And are you familiar with the lane  
12 numbering convention that goes from left to right, one,  
13 two, three, four, five?

14 A. Uh, no.

15 Q. Okay. If I say that the vehicle next to the  
16 number 1 is in lane number two --

17 A. This one (indicating)?

18 Q. Yes. No, no, no, sorry. The vehicle that has  
19 the blue arrow extending in front of it?

20 A. This one?

21 Q. Yes.

22 A. This is lane number one?

23 Q. Two.

24 A. Two. Okay.

25 Q. So if you're going from left --

1           A. So the top of the image --

2           Q. Yes.

3           A. Okay.

4           Q. -- one, and then increase by increment of one

5 as you go away.

6           So do you understand then, at least with that

7 convention, the vehicle that has the arrow in front of it

8 is in lane number two?

9           A. Yes.

10          Q. Okay. And Autosteer, by design, the intention

11 is to keep the vehicle in lane number two between points 1

12 and 2 unless the driver inputs another direction. True?

13          A. Autosteer does not have access to this view, so

14 I can't comment on that.

15          Q. Okay. So just in your head go moment by

16 moment. As you progress moment by moment forward,

17 Autosteer, the intention is for it to stay in lane number

18 two between points 1 and point 2?

19          A. No, Autosteer has no relevancy to this image

20 because it doesn't have access to this image. It has

21 access from the front of the car which is what it looks

22 at, so Autosteer and this image don't go together.

23          Autosteer looks like something that's in frontal

24 view, so if you show me an image of a frontal view, I can

25 point you to where it will go in the image in the future.

1           Q. So am I correct that you cannot, you as you are  
2 here today, you cannot say that the intention of Autosteer  
3 is to keep the vehicle in the number two lane from point 1  
4 to point 2 in this image. Is that true?

5           A. Like I said, Autosteer has no access to this  
6 image, so Autosteer doesn't use this image as an input, so  
7 Autosteer doesn't know about point 1 or point 2.

8           Q. I'm not saying it does. I'm asking you in  
9 function, is that what's intended to do?

10          A. Autosteer is intended to look at what the  
11 cameras can see. So if you show me a camera view of  
12 Autosteer, I can tell you what is the design and where  
13 it's intended to go.

14          Q. Okay. And I'm just talking about from a lay  
15 person description. If you're to say this is what  
16 Autosteer does, you cannot say that the function of  
17 Autosteer is to keep the vehicle in the number two lane on  
18 the road that's shown in Exhibit 72 from point 1 to  
19 point 2. You can't say that.

20          A. Autosteer doesn't have access to this view, so  
21 I cannot comment on what Autosteer would do because it  
22 could be that like one lane is blocked off with cones or  
23 something, or it could be that like there's no marking on  
24 the ground that looks like this any more. There's  
25 probably sunlight that's playing on your windshield.

1           There are a lot of conditions that the images show  
2   which this view doesn't show, so from the view, I cannot  
3   comment on capabilities of Autopilot.

4           Q. Okay. So let's start, though, by -- you're  
5   adding in a number -- you've just added into what I asked  
6   you a number of potential scenarios that might cause the  
7   vehicle to do something other than stay in a lane.

8           A. No, I just said what is the difference between  
9   looking at this view versus looking at the image that the  
10   car sees.

11          Q. Okay. I understand that. Exhibit 71, in  
12   Autosteer, it says: Autosteer keeps the vehicle in its  
13   lane.

14          And if we go to 72, with that definition in mind,  
15   you cannot say that Autosteer would keep the vehicle in  
16   the lane number two between points 1 and point 2, you  
17   can't say that?

18          A. No, because Autosteer stays in its lane. This  
19   is not Autosteer's lane; this is the lane you asked me or  
20   you defined that point 1 to point 2 is a lane. That is  
21   not what Autosteer is saying is a lane.

22          Autosteer's view of the world is from a camera. So  
23   when Autosteer says it will stay in its lane, it's the  
24   lane that it sees from its camera, and has nothing to do  
25   with the lane drawn here.

1 Q. So the lane that Autosteer sees as of  
2 March 2018, it may not coincide with the lanes that are on  
3 the image 72. True?

4 A. Because it doesn't have access to this image.

5 Q. Okay. But as a matter of correlation to the  
6 real world, it might not be in the number two lane by the  
7 time it gets to number two; you never know.

8 A. We cannot correlate without looking at data.

9 Q. Okay. Let's have the data be what is shown on  
10 Exhibit 72. Only 72; no cones no spaceships on the road,  
11 just what's there. And I'm using spaceships because  
12 that's an example of what Elon Musk uses.

13 MR. GALVIN: Okay. But let's not argue.

14 THE WITNESS: I can answer that.

15 So if Autopilot is given this view, it would be a  
16 completely different system which would be functionally  
17 different, designed differently.

18 Whereas this is not the view Autopilot looks at, so  
19 that stands the fact that Autopilot doesn't have access to  
20 this view, so Autopilot drives on views from its camera  
21 which are on the vehicle at any point, and not from the  
22 satellite view image that is probably pulled from  
23 Google Maps or something.

24 MR. MCDEVITT: Q. Okay. So am I correct that --  
25 my question is: If Autosteer was engaged at point 1 by

1 the vehicle shown with the blue arrow in front of it, am I  
2 correct that you cannot say whether the vehicle, with  
3 Autosteer engaged, would be in the same lane by the time  
4 it gets to point 2.

5 A. Yes, that is correct.

6 Q. And there would be no way for a driver to know  
7 whether that would be true, either. True?

8 A. There's a complete way for a driver to know  
9 what would be true because a driver is thinking at what  
10 Autosteer thinks the lanes are, they are looking at the  
11 road in front of them, they can match what Autosteer's  
12 lane looks like as compared to the lane in front of them,  
13 and they can determine where the car is going; it's made  
14 very clear to the user if they're looking at the user  
15 interface and the road in front of them.

16 Q. My question is different.

17 My question is from a predictability standpoint, as  
18 a driver, as a driver you're saying at point 1, you engage  
19 Autosteer, and the driver is wondering, from a  
20 predicability standpoint, will I be in the same lane by  
21 the time I reach point 2? No way to know.

22 A. That's hypothetical because the driver doesn't  
23 have access to this image while they're sitting in the  
24 car, and the computer also doesn't have access to this  
25 image when they're sitting in the car.

1           The driver sees outside from the windshield onto  
2 the road, and onto the instrument cluster, and the car  
3 sees in front of them using the camera pointed outwards  
4 onto the road in front of it. So nobody has access to  
5 this image so this image is not relevant for what  
6 Autosteer's capabilities are.

7           Q. Okay. And I want to make clear. I'm not  
8 trying to suggest that Autosteer has access to this image.

9           I'm asking you if a customer, a Tesla owner said,  
10 "I want to know how I can expect Autosteer to function."

11           "Let me say, for example, if I put Autosteer on  
12 when my vehicle was at point 1, would I be in the same  
13 lane by the time I got to point 2?"

14           Your response is there's no way to know.

15           A. Um, I think Tesla in its user manual never  
16 shows an image like this and tells you that here is where  
17 your car will go --

18           Q. Sir, let me stop you. That is not my question  
19 at all. You are not answering my question. My question  
20 is nothing like that, okay?

21           So I'd like you to answer the question and restrict  
22 your response to the question.

23           If a Tesla customer, an owner of a vehicle, says,  
24 "I want to understand how Autosteer functions, will my  
25 vehicle, if I engage Autosteer at point 1, by the time I

1 get to point 2, will I still be in the number two lane?"

2 No way to know.

3 A. Not without sitting in the car.

4 Q. Okay. Now, let's go to page two of Exhibit 72.

5 Am I correct that there's no way for a Tesla driver

6 to have a predictability as to whether Autosteer will keep

7 his or her vehicle in the same lane between points 2 and 3

8 on Exhibit 72? Is that true?

9 A. Unless continuously monitored and seeing that

10 like the car is going where it's -- the user wants them to

11 go, because there's no way for the car to know that the

12 user wants them to go from point 2 to point 3 in Autosteer

13 functionality.

14 The car only knows about at point 2 I want to stay

15 in the center of the lane where point 2 is, and that is

16 that.

17 And then the user is basically an input to the

18 system in terms of making sure that the car is doing what

19 they intend the car to do.

20 So if between point 2 and point 3, if you draw like

21 100,000 points, and at every point the driver is looking

22 at is this where I expect the car to be, and the car is at

23 that point, I would like to go to the next moment, and so

24 on and so forth. That is what the intended behavior of

25 the Autopilot system is.



1           Q. So you would disagree that the intended  
2 function of Autosteer would be to keep a Tesla vehicle in  
3 the number two lane between points 2 and 3. Do you  
4 disagree with that. Is that true?

5           A. Again, this image is not what Autosteer has  
6 access to, so going from point 2 to point 3 in this image  
7 is irrelevant to Autosteer's design.

8           Q. Do you agree that there is a lane that exists  
9 continuously between points 2 and point 3?

10          A. There is an overhead bridge, so my best  
11 assumption is that there's a lane between 2 and 3, but  
12 there is no guarantee what is between 2 and 3 under the  
13 bridge.

14          Like I can interpolate the data and tell you that,  
15 like, yes, it's probably the same lane, but otherwise  
16 there no way to know like what is under the bridge. Like  
17 maybe that road like goes down underground or something  
18 and I don't know if 1 and 3 are even connected together  
19 from this view.

20          Q. Okay. So let's go to page three.

21          Between points 3 and 4 on page three of  
22 Exhibit 72 --

23          A. Um-hum.

24          Q. -- do you agree that there is a continuous  
25 lane?

1 A. Between points 3 and 4?

2 Q. Yes.

3 A. Um, if you don't want to lane change or any of  
4 that, in this particular view, if I were to use this view  
5 to go from point 3 to point 4, yes, I can draw a straight  
6 line.

7 Q. Okay. So we're in agreement there is a lane  
8 that goes between points 3 and 4. True?

9 A. In this particular view?

10 Q. Yes.

11 A. Yes.

12 Q. Okay. And if Autosteer was engaged on a Tesla  
13 vehicle when the Tesla vehicle was at point 3, do you  
14 agree that the way that Autosteer is designed would be to  
15 keep the vehicle in that lane between points 3 and  
16 point 4?

17 A. No.

18 MR. GALVIN: Objection. Foundation. Incomplete  
19 hypothetical.

20 MR. MCDEVITT: No?

21 THE WITNESS: Yeah, because Autopilot again, I  
22 would reiterate the fact I said earlier, doesn't have  
23 access to this view. So it depends on what the scene  
24 looks like when you're at 3. Four might not even be  
25 visible in the image for Autopilot.

1           MR. MCDEVITT: Q. Okay. And what I'm saying is if  
2 you're talking about the intended function of Autosteer,  
3 as a Tesla vehicle going between points 3 and 4, on this  
4 road, you cannot say that the intended function of  
5 Autosteer is to keep it in the same lane?

6           MR. GALVIN: Objection. Incomplete hypothetical.  
7 He's not here as an expert. You're basically retracing  
8 Mr. Huang's track, and asking him for an opinion about the  
9 performance of the vehicle. He's not an expert, and he's  
10 got no foundation.

11          MR. MCDEVITT: I'm asking him about the intended  
12 function of Autosteer. That's all I'm asking.

13          THE WITNESS: The intended function of Autosteer is  
14 not looking at this view. So --

15          MR. MCDEVITT: Okay.

16          Q. I want to make clear. I'm not suggesting that  
17 Autosteer looks at this view. I'm taking a fundamental  
18 piece, one piece, intended function of Autosteer is to  
19 keep the Tesla in its lane. True?

20          A. The intended function of Autopilot is to keep  
21 the vehicle in the lane the car is seeing in front of it,  
22 and this view is pretty fundamental to not being a part of  
23 the Autopilot system, so I disagree with you.

24          MR. GALVIN: Can we go off the record for a second?

25          THE VIDEOGRAPHER: We are going off the record.

1 The time is 12:48.

2 (Discussion off the record.)

3 (Recess.)

4 THE VIDEOGRAPHER: We're back on the record. The  
5 time is 1:22.

6 MR. MCDEVITT: Q. Okay. Mr. Shroff, looking at  
7 Exhibit 72, I'm on page four. I'm just going to ask you  
8 some questions just about terminology.

9 Going to page three, do you see that on page three,  
10 in between the numbers 3 and 4, if you're looking,  
11 focusing on the number one and number two lane --

12 A. Um-hum.

13 Q. -- the lane lines, the line between the number  
14 one lane and the number two lane begins to diverge into  
15 two lines?

16 A. Yes.

17 Q. And within the Tesla software team, what's the  
18 terminology you use to describe an instance where the line  
19 between two lanes begins to diverge?

20 A. Um, there is nothing for the line, but the  
21 point at which the line starts diverging, we call it a  
22 gore point.

23 Q. Okay. I've also seen terminology "lane split".  
24 Lane split; is that wording used to describe what we see  
25 on page three of Exhibit 72 when you have a line between

1 two lanes that starts to diverge?

2 A. No.

[illegible]

\_\_\_\_\_

████████████████████

\_\_\_\_\_

\_\_\_\_\_

[REDACTED]

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

[REDACTED]

15           Q. Have you heard within Tesla the phrasing that  
16 "data drives vision"?

17           A. Not that exact phrase but similar things.

18           Q. And tell us what you have heard.

19           A. Um, just big data is the key to solving  
20 Computer Vision.

21           Q. Oh, have you heard vision -- never mind.

22           Have you heard of what's referred to as the "prime  
23 objective" for Autopilot?

24           A. I vaguely have heard Elon mention that at some  
25 point, the word "prime objective". I can't remember if it

1 was with respect to Autopilot or just prime objective.

2 Q. And what's your understanding of the prime  
3 objective?

4 A. Um, the main focus.

5 Q. And have you heard Elon indicate that the prime  
6 objective is to not hit anything?

7 A. Yes.

8 Q. I asked you earlier if you had done interviews  
9 for any podcasts. I didn't ask you: Have you ever had  
10 your deposition taken before?

11 A. No.

12 Q. Have you ever given testimony under oath  
13 before?

14 A. No.

15 Q. How many different podcasts have you been on  
16 since February 2016?

17 A. Two.

18 Q. And what were those two podcasts?

19 A. What the Hat, W-h-a-t, t-h-e, H-a-t, and Cold  
20 Blue Money.

21 Q. On either of those podcasts did you discuss  
22 Autopilot?

23 A. Yes, from an outsider's perspective.

24 Q. Did you discuss Autopilot on both podcasts?

25 A. Potentially.



1           Q. Okay. And do you recall that in one of the  
2 podcasts you indicated that the basic instructions with  
3 respect to Autopilot Software Development was don't crash  
4 into something?

5           A. Uh, could have been. I don't exactly remember.

6           Q. Is that consistent with comments you have made  
7 about the basic instructions for Autopilot?

8           A. Um, internal to the team that is what Elon Musk  
9 has often told us, and from my understanding this is also  
10 public information where the prime objective is considered  
11 an art smash.

12          Q. Okay. And to the extent you have any anxiety,  
13 he has said that in interviews with, for instance, Sandy  
14 Monroe.

15          A. Okay.

16          Q. And you've heard Elon say that within Tesla,  
17 "Don't smash?"

18          A. Yes.

19          Q. And "don't smash" meaning don't have Autopilot  
20 control the vehicle into a collision scenario.

21          A. No.

22          Q. What is "don't smash"?

23          A. "Don't smash" is prevent the car from smashing  
24 into anything, whether the user is in complete control and  
25 driving, whether or not Autopilot is engaged or not. It's

1 a part of our active safety.

2 Q. Okay. So "don't smash" applies to both the  
3 scenario where Autopilot is engaged and the scenario where  
4 Autopilot is not engaged?

5 A. Yes.

6 Q. And, for instance, when Autopilot is not  
7 engaged, there are features such as Forward Collision  
8 Warning or Lane Keep Assist and other features that are  
9 intended to reduce the probability of a collision.  
10 Correct?

11 A. Yes. And that also includes automatic  
12 emergency braking.

13 Q. What is automatic emergency braking?

14 A. I'm not an expert to answer that question.

15 Q. Okay. In what way does automatic emergency  
16 braking relate to the prime objective of don't smash?

17 A. Um, it's one of the features helping to not  
18 smash.

19 Q. And in what way does automatic emergency  
20 braking help not smash?

21 A. Uh, I'm not an expert at the details of how  
22 automatic emergency braking works.

23 Q. And I'm not -- I'm asking you your  
24 understanding. And you've commented that you're not an  
25 expert on the details, so with that caveat, can you

1 explain what your understanding of automatic emergency  
2 braking is?

3 A. Sure. Automatic emergency braking applies a  
4 brake when it realizes that if the user continues going at  
5 whatever speed or direction they are intending to go  
6 towards, that would lead to hitting something.

7 Q. Okay. In the podcast What the Hat you said:  
8 "If the car did something that was not close to what the  
9 human would have done, then that would have been bad and  
10 we cannot actually ship that product."

11 Do you recall commenting about that topic?

12 A. Yes.

13 Q. And what do you mean by that?

14 A. Um, when we are testing the development build  
15 on our cars, and the QA and engineers who go out and drive  
16 these development builds, these are non-production  
17 softwares, and they encounter something where they would  
18 have done something differently compared to the car, and  
19 they had to intervene and take over, which basically means  
20 that the car did not do what they intended, then we  
21 collect that data and we try to address that because I  
22 think Elon Musk has also said this in public, that every  
23 human intervention that -- I don't think I have the exact  
24 quote -- but along the lines of that every human  
25 intervention needs to be removed.

1 Q. You mean prior to a development build being  
2 pushed to production vehicles?

3 A. Yes.

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

4           So the driver is the last part of the system which  
5 is involved in the closed loop behavior of the Autopilot  
6 system, and which is why they're expected to pay attention  
7 at all times, keep their eyes on the road, hands on the  
8 wheel, and be attentive.

9           Q. Okay. But, at the back end of that whole  
10 process, where you have the controller doing something  
11 that could steer the vehicle or accelerate or decelerate  
12 the vehicle, there is a way that Tesla can evaluate  
13 whether the vehicle is doing what the feature designers  
14 intend.

15          A. The vehicle is doing where it thinks its lanes  
16 are and drive.

17          Q. Right. And do the feature designers, am I  
18 correct that the feature designers intend for Autosteer to  
19 keep the vehicle within the lane that it started in at the  
20 time Autosteer was activated?

21          A. No.

22          Q. They don't.

23          A. The vehicle is designed to keep itself in what  
24 its estimate of a lane is, which is what the design  
25 document that you showed me earlier also said. The

1 vehicle will maintain lane center in its lane.

2 Q. And that's always relative; it has nothing to  
3 do with whether there's an absolute truth as to what lane  
4 it was in or wasn't.

5 A. Yes. Which is why the driver needs to  
6 determine which lane they want to drive in, because the  
7 car will drive in which lane it thinks is the lane to  
8 drive in.

9 Q. And that could include a gore area. True?

10 A. I cannot comment on that. It determines where  
11 the lanes are on the image that is fed in, and wherever it  
12 thinks the lanes are, it will try to drive where the lanes  
13 are.

14 And it's up to the driver's determination to  
15 determine if where the car thinks the lanes are is the  
16 same place as where the driver thinks the lanes are.

17 Q. Okay. But based on what you've said so far,  
18 the Tesla vehicles as of March 2018, there was nothing  
19 programmed within the vehicle that intended to prohibit  
20 the vehicle from driving in a gore area. True?

21 A. As of 2018, the Tesla vehicle is capable of  
22 staying in lanes which it determines are the lanes without  
23 any knowledge of anything else.

24 Q. And what the Tesla vehicle, as of March 2018,  
25 Tesla knew that the vehicles could determine that a gore

1 area was lane lines.

2 A. Tesla vehicles were not trained with a neural  
3 network that could recognize anything but the lanes that  
4 it wants to drive in.

5 Q. So just focusing on my question, as of  
6 March 2018, Tesla knew that its vehicles could determine  
7 that the lines that defined a gore area were lane lines.

8 A. No, that is not correct. Tesla did not know  
9 about anything except the part where the vehicle draws  
10 lane lines. Or predicts lane lines given an image.

11 It's up to the driver to determine what those lane  
12 lines mean and where those lane lines intend to go.

13 The Tesla vehicle is capable of determining where  
14 it thinks are lane lines, and tries to drive on its lane  
15 lines, which would be different as compared to the user's  
16 lane lines.

17 Q. Understood. But the Tesla vehicle in  
18 March 2018, it could determine that the lines that form  
19 the boundary of a gore were lane lines.

20 A. I, again, going back to answering it exactly  
21 the same way, because it's the exact same question.

22 Tesla vehicles were capable in March 2018 to detect  
23 lane lines given images, and that is what they were  
24 capable of doing. They had no other context.

25 Q. I understand that. Because they had no other

1 context, there was nothing programmed into the Tesla  
2 vehicles that would prevent the vehicle from determining  
3 that the boundaries of a gore represented lane lines.

4 A. There is no gore involved here. The vehicle is  
5 supposed to be driving within its determined two lane  
6 lines, secondly only to the user who determines where they  
7 think the lane lines are.

8 The Tesla vehicle determines where the lane lines  
9 are, and then the user determines where the lane lanes are  
10 looking outside the windshield. And if they don't agree,  
11 the user is free to disengage Autopilot and drive on their  
12 own.

13 Q. So because of that, though, and with respect to  
14 that point, in March 2018, the Tesla vehicle, having lines  
15 that define the boundary of a gore area, had no way to  
16 know if those lines were lane lines or something else.

17 A. Gore area is completely out of the question in  
18 a Tesla vehicle running March 2018 software because Tesla  
19 vehicles were capable of detecting where it thinks there  
20 are lane lines. And it's up to the user's discretion to  
21 determine if those are the same place they expect there to  
22 be lane lines and want the car to drive in.

23 At any point the car doesn't force the user to go  
24 anywhere; the user has complete control of the car to  
25 disengage anything that's running and take complete



1 control.

2 Q. So I appreciate that you're saying the driver  
3 can take over. And my question is not -- that's not my  
4 question. My question is different.

5 Isn't it true that in March of 2018, there was  
6 nothing built into the Autopilot software for the  
7 production vehicles that would prevent the vehicles from  
8 believing that the lines that defined a gore area were  
9 lane lines?

10 A. The Tesla vehicle was equipped to determine  
11 lane lines in an image independent of what area it's in.

12 Q. And in March 2018, a Tesla vehicle could  
13 erroneously interpret the lines that define a gore as lane  
14 lines.

15 A. The Tesla vehicle interprets its best  
16 estimation based on data it has seen of where lane lines  
17 are.

18 MR. MCDEVITT: Can I have the question read back,  
19 Karen?

20 THE REPORTER: "And in March 2018, a Tesla vehicle  
21 could erroneously interpret the lines that define a gore  
22 as lane lines."

23 THE WITNESS: Um, like I said, a Tesla vehicle  
24 will detect lane lines given that image based on the  
25 neural network that was trained to see a lot of examples

1 of lane lines. So whatever the neural network recommends  
2 there to be lane lines, it predicts there to be lane  
3 lines.

4 Q. Respectfully, you're not answering the  
5 question.

6 If you disagree, then I'm going to ask you why you  
7 disagree. But so far, I haven't -- it doesn't seem like  
8 you're disagreeing but you're also not saying that this  
9 was something that --

10 MR. GALVIN: Well, erroneous relative to what?  
11 Vague.

12 MR. MCDEVITT: Okay.

13 Q. So in March 2018, are you saying that there was  
14 some feature of Autopilot that prevented a Tesla vehicle  
15 from interpreting the lines that define the boundary of a  
16 gore area as lane lines?

17 A. I'm going to answer the question based on the  
18 data I have available which is we train the neural network  
19 with a lot of examples of human label lane line data, and  
20 like any other machine-learning model, it learns using a  
21 distribution of data of what lanes lines look like.

22 And then at test time, it is fed new images from  
23 the cars, and it makes its best estimate to put the lane  
24 lines in that image.

25 Q. Okay. Understanding all of that, do you agree

1 that in March 2018, there was no feature of the Autopilot  
2 software that would prevent a Tesla vehicle from  
3 interpreting lines that define the boundary of a gore area  
4 to be lane lines?

5 A. I cannot comment on that because I haven't seen  
6 data related to it.

7 Q. You are not aware of any feature of the  
8 Autopilot software as of March 2018 that would prevent a  
9 Tesla vehicle from interpreting lines that define the  
10 boundary area of a gore area to be lane lines. True?

11 A. I cannot comment on that because that boils  
12 down to the hundreds of thousands of labels that the  
13 network is trained with and what those labels look like.

14 Q. Okay. Sir, I'm asking you if you are aware or  
15 not aware. Okay?

16 So it's true, you are not aware of any feature of  
17 the Autopilot software that, as of March 2018, would  
18 prevent a Tesla vehicle from interpreting lane lines that  
19 define the boundary of a gore area to be lane lines for a  
20 travel lane.

21 A. I --

22 MR. GALVIN: Wait a minute. That misstates his  
23 testimony. He's already testified that a 2018 Model X  
24 couldn't identify a gore.

25 MR. MCDEVITT: So why are we getting anything but a

1 "yes" or a "no"?

2 MR. GALVIN: Wait a minute. I can start yelling,  
3 too. Well, I really can't anymore.

4 But if it can't identify a gore, how can it  
5 identify what the lines are?

6 MR. MCDEVITT: That's my point. But he's --

7 MR. GALVIN: Well, why are you asking the  
8 question --

9 MR. MCDEVITT: Because he's saying everything  
10 except that. I mean that's the point. Everybody in the  
11 room knows that, but you're not saying it.

12 MR. GALVIN: He's said it multiple times; I didn't  
13 make it up.

14 MR. MCDEVITT: I know that. No, he hasn't said it.

15 Q. It's true that in March 2018 there was no  
16 feature of Autopilot that would prevent the vehicle with  
17 Autosteer engaged from interpreting the gore lines to be  
18 lane lines.

19 A. That is completely dependent on the labeling  
20 that was done and you would have to look at hundreds of  
21 thousand of images that the neural network was trained on  
22 to determine what the neural network was trained on to  
23 identify as lane lines.

24 So if a neural network has images in the training  
25 area which has lines drawn into the two lines of the gore,

1 then your claim is legitimate, but since I have not seen  
2 all of the data that has been labeled to train the neural  
3 network which identifies lane lines, I cannot accept or  
4 deny that claim you just made.

5 Q. Okay. So at least as far as you know, just  
6 limiting it to what you know, as of March 2018, there were  
7 not any features of Autopilot that would prevent the  
8 vehicle with Autosteer engaged from interpreting gore  
9 lines to be lane lines.

10 MR. GALVIN: He just answered that.

11 THE WITNESS: My extent of knowledge is not  
12 covering what was labeled as a lane line. So I cannot  
13 comment on any of the lane line labels on what is  
14 considered to be a lane line.

15 MR. MCDEVITT: Q. As of March 2018, as far as you  
16 know, was there any feature of Autopilot that had as part  
17 of its purpose to prevent a Tesla vehicle with Autosteer  
18 engaged from entering a gore area?

19 MR. GALVIN: Asked and answered.

20 THE WITNESS: An Autopilot vehicle in March of 2018  
21 is supposed to stay between the two lane lines it  
22 determines are lane lines. That is the feature extent of  
23 Autopilot in March 2018.

24 MR. MCDEVITT: Q. And it was independent of  
25 whether those lane lines actually represented a gore area.

1 True?

2 A. Those lane lines could be different from a  
3 human interpretation of where lane lines are. That is the  
4 extent of the knowledge that I have about where the neural  
5 network predicts lane lines.

6 Q. Okay. So from the basis of your knowledge, a  
7 quality assurance engineer could interpret lines that are  
8 to represent the boundary of a gore area and a vehicle at  
9 the same time could interpret those to be line lanes.

10 MR. GALVIN: Objection. Foundation. Calls for  
11 speculation as to someone's interpretation.

12 THE WITNESS: The lane lines based on any driver  
13 who is sitting in the car at any time could be different  
14 from the neural networks' interpretation of those lane  
15 lines, because the driver intent is not the same as the  
16 neural network's intent, because this car is not  
17 navigating on Autopilot; this car is just expected to stay  
18 in the lane at that given moment that the driver has  
19 determined is okay in his driver confirmation.

20 MR. MCDEVITT: Q. And you agree that staying  
21 within the lane is not the same thing as controlling the  
22 vehicle into a gore area. That is not the same thing as  
23 staying in a lane. True?

24 A. If the driver thinks that is the correct lane,  
25 then it is okay. It's completely upon the discretion of

1 the driver to, at any point -- it's basically considered  
2 an acknowledgment that the driver is completely involved  
3 when the vehicle is driving on lanes at any point that  
4 Autopilot is engaged.

5           So if the driver sees it fit to where the car is  
6 driving, that is considered the driver's intent.

7           Q. Okay. And so from the perspective of a Tesla  
8 software engineer, it was acceptable for a Tesla vehicle  
9 to drive into a gore area as long as the driver didn't  
10 disagree with that decision?

11           A. If the driver is attentive and agrees to not do  
12 anything about where the car is driving, that is  
13 considered a valid decision on the driver's front.

14           It is the same as what you would do if cruise  
15 control is engaged on a car, and the car is going at  
16 70 miles per hour, and there's a sharp curve where you  
17 need to slow down to like 30 miles an hour, and the driver  
18 decides to not intervene and jumps off of a cliff.

19           Q. Except for, in that scenario, the driver  
20 intended for the vehicle to stay at 70 miles an hour, it  
21 did stay at 70 miles an hour, and in my example, the  
22 driver intended for the vehicle to stay in its lane, but  
23 it's going into a gore area.

24           A. No, the driver intended for the vehicle to stay  
25 in its lane in the given instant that it was at, not

1 50 meters from now, not 100 meters from now. There's  
2 closed-loop behavior of the vehicle which is involved in  
3 control of the vehicle.

4 Q. Okay. What is the basis for your statement  
5 that the driver, 100 yards back, did not intend for the  
6 vehicle to stay in the same lane that it's in 100 yards  
7 from there?

8 A. The driver is in complete control of the  
9 vehicle, so if the driver is letting the vehicle going  
10 somewhere, the driver is aware of the fact that the  
11 vehicle is going somewhere.

12 And if the driver decides not to do anything about  
13 it, that is considered the driver's intent.

14 Q. So, if you put on Autosteer, wherever the  
15 vehicle goes is fine, doesn't matter if it stays in its  
16 lane, goes four lanes over; from the Tesla perspective,  
17 that's okay as long as the driver doesn't object.

18 A. If the driver doesn't object then that means  
19 that the car is doing as the driver has intended.

20 Q. Even though it's moving four lanes.

21 A. If the driver has intended, then that seems to  
22 be the correct thing to do.

23 If the driver intervenes, that means that the car  
24 is doing something wrong. If the driver does not  
25 intervene, the interests of Autopilot and driver at that



1 point are considered aligned.

2 Q. So from your -- based on that definition,  
3 Autosteer can never malfunction.

4 A. An Autosteer is a convenience feature that has  
5 been given to the driver to ease the amount of work that  
6 the driver needs to do and not substitute for the driver.

7 Q. Okay. But you would agree from your  
8 definition, Autosteer, it's incapable of malfunctioning.  
9 True?

10 MR. GALVIN: No, no, that's not what he said.

11 MR. MCDEVITT: That's what you're saying.

12 THE WITNESS: I did not say that.

13 MR. MCDEVITT: Q. Okay. At any point in time has  
14 Autosteer been capable of malfunctioning?

15 A. Autosteer can do what the driver intends to do,  
16 in which case the driver is free to keep it engaged.

17 If the Autosteer does something that the driver  
18 doesn't intend to do, then driver is free to disengage  
19 Autosteer and drive manually.

20 Q. Okay. So my question was different.

21 Do you agree that from your perspective it is not  
22 possible for Autosteer to malfunction?

23 A. I did not say that. All I said was Autosteer  
24 is an assistance feature to the driver, and driver is in  
25 closed-loop control of the vehicle, and the driver can

1 allow the vehicle to do whatever the driver intends to do.

2 Q. Okay. Define for us an example.

3 What would be an example of the Autosteer  
4 malfunctioning?

5 A. Um, I cannot think of an example.

6 Q. Okay.

[REDACTED]

[REDACTED]

13           Q. Okay. During your employment with Tesla --  
14 well, independent of being involved in the deposition  
15 today, did you become aware of the crash with Mr. Huang?

16           A. Yes.

17           Q. And when did you first learn about it?

18           A. Through a news article.

19           Q. And was the first time you interacted with any  
20 attorneys in relation to the crash several days ago?

21           A. Uh, last week I had a ten, 15-minute call with  
22 Ryan. And -- who happens to be one of the Tesla  
23 attorneys.

24           And then yesterday, and day before yesterday, I met  
25 with these guys.

1 Q. Okay. Prior to that had you had any  
2 interaction with any attorneys relating to the crash  
3 involving Mr. Huang?

4 A. No.

5 Q. So you learned about the crash by seeing it on  
6 the news. Right?

7 A. Yes.

8 Q. Did you hear about it through any discussions  
9 with co-workers at work?

10 A. Some of them heard the same news.

11 Q. Did you take any steps or were you involved in  
12 any efforts to figure out what happened?

13 A. Not directly with this crash.

14 Q. Were you indirectly involved with respect to  
15 this crash?

16 A. Not seeing any data, anything similar to this,  
17 but I worked on Navigate on Autopilot which involves gores  
18 and forks.

19 Q. Okay. And so let me -- I'm going to be  
20 specific right now.

21 So what I'm wondering is after you learned about  
22 this crash, it's a crash obviously involving a Tesla  
23 vehicle, and it's within 100 miles of where you work.  
24 Right?

25 A. Yes.

1           Q. Did you at any point, after the crash, during  
2 your time with Tesla, did you do or take any steps where  
3 you tried to look at the data or try to figure out what  
4 happened?

5           A. No.

6           Q. Were you asked at any point to go to the area  
7 and do a drive-through to evaluate how a car running  
8 Autopilot interacted with the environment?

9           A. No.

10          Q. Did you accompany anybody that did that?

11          A. No.

12          Q. Who did you have discussions with about this  
13 particular crash?

14          A. I don't remember anyone specific, but a  
15 lunchtime discussion with whoever had heard the same news  
16 the day it came out.

17          Q. Okay. Given that you had, with respect to  
18 Navigate on Autopilot, some job tasks that related to  
19 gores, did you have any discussions with anybody about  
20 what the data on the Model X showed with respect to how  
21 the Autosteer performed?

22          A. No.

23          Q. Were there any discussions within Tesla that  
24 you became aware of that were along the lines of, you  
25 know, let's see if there's anything that needs to be

1 changed in the software so that this type of an incident  
2 doesn't occur in the future?

3 A. No.

4 Q. Did you hear anybody within the Tesla Autopilot  
5 software group question whether the Autopilot software had  
6 potentially malfunctioned in the moments leading up to the  
7 crash?

8 A. Could you repeat what was the first part? Did  
9 I hear it?

10 Q. Yes.

11 A. No, I did not hear.

12 Q. So by that I mean -- okay.

13 So we talked about earlier Mr. Musk said, or Elon  
14 Musk said, you know, "Don't smash." Right?

15 A. Yes.

16 Q. And with Mr. Huang's crash you would agree you  
17 could put that into the category of a smash into an  
18 object?

19 A. Yes.

20 Q. And in light of that, was there any discussion  
21 about, hey, was there any aspect of Autopilot that perhaps  
22 contributed to the crash occurring?

23 A. Uh, not that I was involved in.

24 Q. Okay. Did you hear or did you learn of anybody  
25 indicating, you know, let's make sure that, you know,

1 there was nothing that Autopilot did that could have  
2 contributed to this crash?

3 A. I did not hear any of that.

4 Q. Does Tesla have a human factors division?

5 A. I don't know what human factors means.

6

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]



1

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

[REDACTED]

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

████████████████████

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

\_\_\_\_\_

[REDACTED]

14 Q. All right.

15 MR. MCDEVITT: Why don't we take a break here.

16 THE VIDEOGRAPHER: We're going off the record. The  
17 time is 4:11.

18 (Recess.)

19 THE VIDEOGRAPHER: We're back on the record. The  
20 time is 4:22.

21 MR. MCDEVITT: Q. In any of the different roles  
22 that you've held at Tesla, have you ever had any job  
23 responsibilities that related to reviewing accident data?

24 A. No.

25 Q. Just so I'm clear, included within that I mean

1 have you ever had a role where part of your job duties was  
2 to look at the data that's from the Car Log in a vehicle  
3 that had been in a crash and to review that for some  
4 analysis purpose?

5                      A. No.

6 Q. Okay. All right. I'm going to show you  
7 Exhibit 78.

8 (Plaintiff's Exhibit 78 was marked for  
9 identification.)

Bar Index	Approximate Length (%)
1	100
2	45
3	25
4	100
5	95
6	40
7	100
8	85
9	25
10	100
11	100
12	95
13	15
14	30
15	85
16	100

[REDACTED]

19 Q. Okay.

20 MR. MCDEVITT: I don't have any other questions.

21 Thank you.

22 MS. MILLER: Rosemary, do you have any questions  
23 for the witness? Hearing nothing.

24 THE VIDEOGRAPHER: We are going off the record.

25 This concludes today's deposition. The time is 6:06 p.m.

243

1 (Discussion off the record.)

2 (Plaintiff's Exhibit 85 was marked for  
3 identification.)

4 (Deposition concluded at 6:07 p.m.)

5 ---oOo---

6

7

8

9

---

DHAVAL SHROFF

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 STATE OF CALIFORNIA       )  
  )  
2 COUNTY OF ALAMEDA       )       ss

3

4               I, Karen A. Crangle, hereby certify that the  
5 witness in the foregoing deposition named

6

7                                       DHAVAL SHROFF

8

9 was by me duly sworn to testify to the truth, the whole  
10 truth, and nothing but the truth in the within-entitled  
11 cause; that said deposition was taken at the time and  
12 place herein named; that the testimony of said witness was  
13 reported by me, a certified shorthand reporter and a  
14 disinterested person, and thereafter transcribed into  
15 typewriting.

16

17               And I further certify that I am not of counsel or  
18 attorney for either or any of the parties to said  
19 deposition, nor in any way interested in the outcome of  
20 the cause named in said caption.

21

22 Date: August 13, 2021

23

24 *Karen A. Crangle*  
Karen A. Crangle, S.R.

25

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

August 13, 2021

DHAVAL SHROFF  
c/o Vincent Galvin, Attorney at Law  
BOWMAN AND BROOKE LLP  
1741 Technology Drive, Suite 200  
San Jose, California 95110

RE: HUANG vs. TESLA

Dear Mr. Shroff:

Your deposition transcript has been prepared and is available at our office for reading, correcting and signing, and shall remain so available for 35 days.

Should you wish to review your deposition transcript, please contact our office for an appointment.

Sincerely,



Karen A. Crangle, C.S.R.



1 Errata Sheet

2

3 NAME OF CASE: HUANG vs. TESLA INC., et al.

4 DATE OF DEPOSITION: 07/22/2021

5 NAME OF WITNESS: Dhaval Shroff

6

7 Page/Line

8

9

10

11

12

13

14

15

16

17

18

19

24

25

DATE

WITNESS

247